

Attachment F

IN UNITED STATES PATENT AND TRADEMARK OFFICE

In re Application of: Douglas Wilson Examiner: Vinh Luong
Serial No.: 10/727,306 Art Unit: 3656
Filing Date: December 3, 2003

For: FATIGUE RELIEVING SUPPORT FOR STEERING WHEELS AND THE LIKE

Commissioner of Patents
P.O. Box 1450
Alexandria, VA 22313-1450

AMENDMENT/RESPONSE

SIR:

INTRODUCTORY COMMENTS

This Amendment response to the Office Action dated November 19, 2009. Please amend the above identified U.S. patent applications as follows:

Amendments to the Specification begin on page 2 of this paper.

Amendments to the Claims are set forth in a listing of the claims which begins on page 4 of this paper.

Remarks/Arguments begin on page 7 of this paper.

IN THE SPECIFICATION:

Please replace paragraphs [0018], [0020], [0024], [0026], and [0033] as follows:

[0018] Referring to FIG. 1, generally at 100, an embodiment of system 101 of the present invention is shown attached to steering control 105. System 101 includes first section 103 that connects to steering control 105 and deformable second section 102. First section 103 may be formed from a rigid, semi-rigid, or deformable material. If it is deformable, it may have memory. Second section 102 that connects to first section 103 may be formed from a deformable material that has memory. However, the second section may be rigid, semi-rigid or flexible, or ~~non~~-deformable and still be within the scope of the present invention.

[0020] If ~~second~~ the first section is ~~non~~-deformable, the second section it also may extend outwardly from steering control 105 over a predetermined section of the steering control that is shown in FIG. 1 to be an arc. The material may extend outwardly from the steering control at or below the inside circumference of the control over the predetermined arc. As before, this area will typically include at least the ten and two o'clock portions, or may include the entire circumference.

[0024] Similarly, the second system of the present invention at 203 includes first section 207 that connects to steering control 211 and second section 209 that extends outward from first section 207. First section 207 may be rigid, semi-rigid, or deformable, while second section 209 is deformable. Again, if the first section is deformable, it may have memory. Further, second sections 205 and 209 may be rigid, semi-rigid or flexible, or ~~non~~-deformable and still be within the scope of the present invention.

[0026] If the ~~second~~ first sections are ~~non~~-deformable, then each second section may extend outwardly from the steering control over a predetermined arc. These second sections may also extend at or below the inside circumference of the control over the predetermined arc.

[0033] By way of example, FIG. 6, generally at 600, shows another alternate method to attach the system of the present invention to steering control rim 608. The system in this figure has first

section 602 that will envelop rim 608. First section 602 may be made from a flexible material. First section 602 may have a slit 611 which after this section envelops the rim may be stitched shut by stitches 613. As in the other embodiments of the present invention, deformable second section 603 connects to, and extends outwardly from, first section 602. Again, the second section may be rigid, semi-rigid or flexible, or ~~non~~-deformable and still be within the scope of the present invention.

IN THE CLAIMS:

1-13. (Cancelled)

14. (Currently Amended) A fatigue relieving/preventing apparatus associated with a steering wheel for controlling a vehicle, comprising:

a first section that connects to an upper one-half (1/2) of a peripheral portion of the steering wheel; and

a rigid, semi-rigid or flexible, or ~~non~~-deformable second section that connects to, and extends from the first section at the peripheral portion of the steering wheel, the second section extends from the first section outward at an angle to a plane across a front face of the steering wheel, the second section for providing resting support for at least a portion of a vehicular operator's body when pressure from the portion of the vehicular operator's body on the second section is less than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel, and deforming out of interference with the vehicular operator's ability to operate the steering wheel when pressure from the portion of the vehicular operator's body on the second section is equal to or greater than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel.

15. (Previously Presented) The apparatus as recited in claim 14, wherein the steering wheel includes the steering wheel for controlling at least a nautical vessel, aircraft, or ground transportation vehicle.

16. (Previously Presented) The apparatus as recited in claim 14, wherein the portion of the body supported by the second section includes at least a forearm, wrist, or hand.

17. (Previously Presented) The apparatus as recited in claim 14, wherein the first section extends a predetermined length of the peripheral portion of the steering wheel.

18. (Previously Presented) The apparatus as recited in claim 14, wherein the second section includes at least two second sections that each connect to the first section at separate locations.

19. (Previously Presented) The apparatus as recited in claim 17 or 18, wherein the first section is deformable.

20. (Withdrawn) A fatigue relieving/preventing apparatus associated with a steering wheel for controlling a vehicle, comprising:

at least two discrete first sections that each connect to a peripheral portion of the steering wheel, and

a discrete rigid, semi-rigid or flexible, or non-deformable second section that connects to, and extends from each first section at a peripheral portion of the steering wheel, each second section extends from the first section outward at an angle to a plane across a front face of the steering wheel, each second section for supporting at least a portion of a vehicular operator's body when pressure from the portion of the vehicular operator's body on the second section is less than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel, and deforming out of interference with the vehicular operator's ability to operate the steering wheel when pressure from the portion of the vehicular operator's body on the second section is equal to or greater than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel.

21. (Withdrawn) The apparatus as recited in claim 20, wherein the steering wheel includes a steering wheel for controlling at least a nautical vessel, aircraft, or ground transportation vehicle.

22. (Withdrawn) The apparatus as recited in claim 20, wherein the portion of the body supported by the second section includes at least a forearm, wrist, or hand.

23. (Withdrawn) The apparatus as recited in claim 20, wherein the apparatus is adjustable for supporting different sizes or types of body portions.

24. (Previously Presented) The apparatus as recited in claim 14, wherein each first section is formed integral with the steering wheel.

25. (Withdrawn) The apparatus as recited in claim 14 or 20, wherein each first section is detachable from the steering wheel.

26. (Withdrawn) The apparatus as recited in claim 20, wherein each first section is deformable.

27. (Previously Presented) The apparatus as recited in claim 14, wherein the first section is flexible, rigid, or semi-rigid, or non-deformable.

28. (Withdrawn) The apparatus as recited in claim 20, wherein the first section is flexible, rigid, or semi-rigid, or non-deformable.

REMARKS/ARGUMENTS

I. INTRODUCTION

Claims 14-19, 24, and 27 are pending in the present application. This Amendment/Response ("Amendment") is filed to respond to the Office Action dated November 19, 2009. In the office Action, the Examiner has referred to claim 25/14 in his rejections. However, in the section titled "IN THE CLAIMS," it is noted that claim 25 has been withdrawn. Accordingly, Applicant will not respond to the Examiner's rejection as it applies to claim 25/14.

In the Office Action, the Examiner set forth the following rejections related to the claims:

A. claims 14-19, 24, and 27 are rejected under 35 U.S.C. § 112, second paragraph, as being indefinite for failing to adequately point out and distinctly claim subject matter which Applicant regards as the invention for reciting the "non-deformable" with respect to the second section;

B. claims 14-17, 19/17, 24, and 27 are rejected under 35 U.S.C. § 102(b) for anticipation based on U.S. Patent No. 2,134,020 to Anson ("Anson");

C. claims 14, 18, and 19/18 are rejected under 35 U.S.C. § 102(b) for anticipation based on Gemma; and

D. claim 14 is rejected under 35 U.S.C. § 102(b) for anticipation based on U.S. Patent No. 5,207,713 to Park ("Park");

Applicant will demonstrate herein that the claims, as amended, overcome the objections and each of the bases of rejection advanced by the Examiner, thereby, placing in the present application in condition for allowance.

II. LEGAL STANDARD

As stated in Section I, the Examiner has rejected claims 14-19/17, 24, and 27 for anticipation under 35 U.S.C. § 102(b) based on Anson; claims 14, 18, and 19/18 for anticipation under 35 U.S.C. § 102(b) based on Gemma; and claim 14 for anticipation under 35 U.S.C. § 102(b) based on Park.

The standard for sustaining a rejection for anticipation is a single prior art reference must disclose each and every limitation of the claim. *See, e.g., Schering Corp. v. Geneva Pharma., Inc.*, 339 F.3d 1373, 1377 (Fed. Cir. 2003) (“[a] patent [claim] is invalid for anticipation if a single prior art reference discloses each and every limitation of the claimed invention”); *Trintec Industries, Inc. v. Top-USA Corp.*, 295 F.3d 1292, 1295 (Fed. Cir. 2002) (“[a] single prior art reference anticipates a patent claim if it expressly or inherently describes each and every limitation set forth in the patent claim.... Inherent anticipation requires that the missing descriptive material is ‘necessarily present,’ not merely probably or possibly present, in the prior art”); *Brown v. 3M*, 265 F.3d 1349, 1351 (Fed. Cir. 2001) (“[t]o anticipate, every limitation of the claimed invention must be found in a single prior art reference, arranged as in a claim”); *Kloster Speedsteel AB v. Crucible, Inc.*, 794 F.2d 1565, 1571 (Fed. Cir. 1986) (“absent from the reference of any claimed element negates anticipation”). Neither Anson, Gemma, nor Park meets this standard.

III. THE INDEFINITENESS REJECTION IS TRAVERSED

The Examiner has rejected claims 14-19, 24, and 27 under 35 U.S.C. § 112, second paragraph, as being indefinite for failing to adequately point out and distinctly claim subject matter which Applicant regards as the invention for reciting the “non-deformable” with respect to the second section. In advancing this rejection, the Examiner cited the footnote on page 2 of the Board of Appeals and Interferences decision dated September 2, 2009. Applicant has amended the specification and claims 14-19, 24, and 27 consistent with the Board decision to clarify any possible ambiguity in specification and claims. Therefore, applicant has traversed the indefiniteness rejection set forth by the Examiner pursuant to rejection under 35 U.S.C. § 112, second paragraph, and respectfully requests that this rejection be withdrawn.

IV. CLAIMS 14-19/17, 24, AND 27 ARE NOVEL IN VIEW OF ANSON

The Examiner rejected claims 14-17, 19/17, 24, and 27 under 35 U.S.C. § 102(b) for anticipation based on Anson. Of these claims, claim 14 is an independent claim, and the remainder of the claims, namely claims 13-17, 19/17, 24, and 27, depend directly or indirectly from claim 14.

With regard to claim 14, the only portion of Anson the Examiner cites to support the anticipation rejection is the Examiner's marked-up version of Figure 8 that was attached to the Office Action as Appendix 1. The remainder of the basis of rejection is the Examiner's contentions based on supposedly adding the reference numbers from Anson to the language of claim 14 without supporting citations to Anson. This is not sufficient to support a *prima facie* anticipation rejection given the structural and functional recitations of claim 14. Accordingly, this alone is sufficient grounds for the traverse of the anticipation rejection based on Anson. Even given the foregoing, Applicant submits Anson does not anticipate claim 14 of the present application.

Applicant submits the Examiner is relying on substantially all of page 1 and a small portion of page 2 of Anson to allegedly support his rejection. Given this broad citation to Anson by the Examiner, Applicant provides the following portions of Anson that are believed to be germane to the Examiner's rejection of claim 14. The general problem that is allegedly solved by Anson is the following:

I [Anson] have found that in the driving of an automobile and particular when driving for extended periods of time over long distances, the normal manner of holding and manipulating the steering wheel, wherein both driver's hands grasp the wheel and positions which require the driver's arms remain in a raised and more or less unnatural and uncomfortable position, considerable strain develops in the driver's hands, arms, shoulders and back particularly, and results in excess of fatigue...

To obviate these disadvantages, I have devised an attachment for steering wheel, which permits a driver to assume a completely comfortable and relaxed driving position, while at the same time, affords a means permitting the driver to at all times retain positive operating control of the steering wheel.

To this end, I have devised an attachment which comprises generally an auxiliary grip handle is attachable to a steering wheel and extends from the wheel so that it permits the driver to grasp the handle with one hand while that hand is resting in a completely relaxed position in his lap.... My new attachment is preferably construction of a rubber or similar composition material which is sufficiently resilient to be comparably gripped by the hand and sufficiently pliable to yield readily to pressure of contact with more or less solid structures, such as the body or legs of the driver, but which is characterized by sufficient rigidity to afford a positive means of control or manipulation of the wheel by the driver.

Therefore the general object of the invention is to provide an attachment for relieving strain resulting from the normal method of steering automobiles while driving on distances.

A principal object is to provide an improved flexible... steering grip... which will permit the driver to remove his hands from the wheel and allow them to be positioned in a comfortable and relaxed position while enabling adequate steering of the car to be had with one hand, leaving the other hand free for signaling purposes or for purposes of complete rest and relaxation.

* * *

Referring to the drawing and Figs. 1 and 2 in particular, the steering attachment of this invention is shown attached in the normal driving position to a steering wheel 10. The attachment comprises a handgrip portion 11, which preferably a bulbular form and constructed of a flexible material such as rubber or similar pliable composite material. [Emphasis added.]

Anson, Page 1, Left Column, Line 6 -- Right Column, Line 53.

However, Applicant would like to bring to the Examiner's attention to the following quotation from the Pages 1 and 2 that are also germane to the understanding of the alleged invention of Anson:

The attachment comprises a hand grip portion 11, which is preferably of bulbular form.... Grip portion 11 normally extends downwardly from the wheel rim and is of suitable length to adapt same to extend to the region of the driver's lap so that it may be grasped by the driver's hand when his hand is resting in a normal comfortable position in his lap. Grip portion 11 is reduced in cross-sectional area at one end to form a neck 12. Neck 12...will have sufficient pliability...to be deflected from its normal pendant position without adversely affecting the measure of control of the steering wheel movements afforded by the positive operating movement of the attachment, while at the same time, neck 12 will retain sufficient rigidity to permit operating movements of hand grip 11 to be positively communicated to the steering wheel rim for effective control of its movements.

Anson, Page 1, Right Column, Line 49 – Page 2, Left Column, Line 18.

Claim 14, as amended, recites the following:

14. (Currently Amended) A fatigue relieving/preventing apparatus associated with a steering wheel for controlling a vehicle, comprising:

a first section that connects to an upper one-half (1/2) of a peripheral portion of the steering wheel; and

a rigid, semi-rigid or flexible, or deformable second section that connects to, and extends from the first section at the peripheral portion of the steering wheel, the second section extends

from the first section outward at an angle to a plane across a front face of the steering wheel, the second section for providing resting support for at least a portion of a vehicular operator's body when pressure from the portion of the vehicular operator's body on the second section is less than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel, and deforming out of interference with the vehicular operator's ability to operate the steering wheel when pressure from the portion of the vehicular operator's body on the second section is equal to or greater than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel. [Emphasis added.]

As shown above with regard to the invention of claim 14, the apparatus of the invention is disposed on the upper one-half (1/2) of the steering wheel, which is supported by Figures 1 and 2 of the present application, and the "second section" provides resting support for the vehicle operator's arm(s), wrist(s), etc.

Figure 8 of Anson shows the normal position of the steering wheel attachment. This position is a pendant position at the bottom of the steering wheel. Anson clearly states in the quotation above that the problem to be allegedly solved is eliminating the problems associated with steering the vehicle with the driver's hands at the normal 10:00 and 2:00 positions (Anson, Page 1, Left Column, Lines 6-18 and Lines 44-47). This is accomplished by moving steering to the bottom of steering wheel using the attachment (Page 1, Right Column, Line 46 - Page 2, Left Column, Line 4). Claim 14, as amended, recites the apparatus of the present invention is disposed on the upper one-half (1/2) of the steering wheel periphery. This provides a structural difference between Anson and the present invention.

According to Anson, the attachment is not usable when placed at the upper one-half (1/2) of the periphery of the steering wheel. This is supported by Anson at Page 2, Right Column, lines 68-72, where it states:

Similarly, the attachment may be rotated about the steering wheel rim from its normal pendant position to a position within the periphery of the steering wheel when it becomes desirable to dispense with its use in operating the wheel.

According to the quotation immediately above, a steering wheel attachment of Anson will be moved to the upper one-half (1/2) of the steering wheel so that it will extend within the periphery when it is no longer desirable to be used for steering the vehicle. Accordingly, the

steering wheel attachment of Anson is inoperable when located at the upper one-half (1/2) of the steering wheel.

Applicant also submits that Anson does not provide resting support for the vehicle driver's hands as asserted by the Examiner. More specifically, the bulbular-formed grip portion 11 does not provide "resting support" for the driver's body; that is provided by the driver's lap. Applicant's position in this regard is supported at least in two sections of Anson. The first is found at Page 1, Right Column, Line 49 -- Page 2, Line 18, which was previously quoted in this Amendment. The second is at Page 2, Right Column, Lines 49-56, which states:

From the foregoing it will be evident that by means of any one of the described modifications of my new steering wheel attachment, the ordinary steering wheel movements may be completely controlled with one hand by the driver while that hand is in a comfortably supported position in the driver's lap, the other hand being free for purposes of signaling or repose. [Emphasis added.]

This will be addressed in greater detail in Section VII of this Amendment.

Noting the foregoing, Anson does not support the Examiner's basis for rejecting claim 14 and this rejection should be withdrawn.

Claims 13-17, 19/17, 24, and 27 depend from claim 14. As such, each of these claims adds features to claim 14. Therefore, since claim 14 is not anticipated by Anson, then claims 13-17, 19/17, 24, and 27 are not anticipated by Anson for at least the same reasons. As such, Applicant has traversed the Examiner's anticipation rejection as applied to claims 14-17, 19/17, 24, and 27. Applicant requests that this rejection be withdrawn.

V. CLAIMS 14, 18, AND 19/18 ARE NOVEL IN VIEW OF GEMMA

The Examiner rejected claims 14, 18, and 19/18 under 35 U.S.C. § 102(b) for anticipation based on Gemma. Of these claims, claim 14 is an independent claim, and claims 18 and 19/18 depend from claim 14. In rejecting claims 14, 18, and 19/18, the Examiner contends that Gemma teaches a fatigue relieving/preventing apparatus. Applicant submits that the Examiner's contention that Gemma teaches a fatigue relieving/preventing apparatus is misplaced.

Gemma is directed to stress relief (Gemma, paragraph [0007]). Further, Gemma states the following at paragraph [0027]:

Regardless of the type of stress relief steering wheel cover that is employed, the use remains the same. While driving and experiencing stress, the driver would place his or her hands around the cover 10 and squeeze tightly. It would be found that this type of release of energy can reduce the level of stress, along with the emotional response associated therewith. [Emphasis added.]

The two sacs at 10:00 and 2:00 on the steering wheel cover of Gemma are meant to be squeezed tightly for the purpose of stress relief. There is no teaching or suggestion in Gemma that the stress relief provides any type of fatigue relief. Although the Examiner states Gemma provides "fatigue relief," there is nothing in Gemma to support this position of the Examiner.

As demonstrated above in this Section V, Gemma is directed to stress relief. The sacs of the steering wheel cover are held by the driver and tightly squeezed when necessary to relieve stress. (See paragraph [0008]). When it is not necessary to relieve stress, the sacs are not held. Whether held or not, the sacs of the steering wheel cover do not provide fatigue relief as the Examiner contends. The Examiner has failed to support the anticipation rejection based on Gemma with any citations to Gemma where it states this reference provides fatigue relief.

Claim 14 with respect to the second section states:

a rigid, semi-rigid or flexible, or deformable second section that connects to, and extends from the first section at the peripheral portion of the steering wheel, the second section extends from the first section outward at an angle to a plane across a front face of the steering wheel, the second section for providing resting support for at least a portion of a vehicular operator's body when pressure from the portion of the vehicular operator's body on the second section is less than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel, and deforming out of interference with the vehicular operator's ability to operate the steering wheel when pressure from the portion of the vehicular operator's body on the second section is equal to or greater than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel. [Emphasis added.]

With respect to teaching the second section of the claim 14, the Examiner cites paragraphs [0008], [0024], [0025], and [0026] of Gemma as anticipating it. These four paragraphs state the following:

[0008] To attain this, the present invention essentially comprises a stress relief steering wheel cover attached to the rim of a steering wheel. The covers are composed of sac assemblies mounted onto the steering wheel. The sac assemblies

are comprised of outer and inner layers with particulate material situated in between. The outer layer is made out of a soft cushion material. The covers are generally "C" shaped, having a narrow opening along one side to allow the cover to be fitted around the steering wheel. A strip of hook and loop fasteners is attached at each end of the opening and serves to fasten the cover around the steering wheel. Alternatively, the stress relief covers may be incorporated directly into the steering wheel. While driving and experiencing stress, the driver would place his or her hands around the covers and squeeze tightly in order to reduce his or her stress level.

[0024] The covers 10 are composed of sac assemblies mounted onto the steering wheel 12. The sac assemblies are comprised of outer and inner layers 16, 18, respectively. The outer layer 16 extends around the entire cover 10 and secures the contents of the inner layer 18 therein. The outer layer 16 defines a compartment housing particulate material 20, namely seeds, pebbles, silicon or the equivalent which are often use in other stress relief devices. The outer layer 16 is made out of a soft flexible material, such as rubber. Such a material would provide a driver with a soft gripping area, conducive to the relief of stress while driving.

[0025] As illustrated in FIG. 3, the covers 10 are "C" shaped, having a narrow longitudinal opening along one side. This opening allows the cover 10 to be fitted around the steering wheel 12. A strip of hook and loop fasteners 22 is attached at each side of the opening. The covers 10 are sized to extend along approximately ["1/16" to ["1/8" of the overall perimeter of the rim 14. Once positioned around the rim 14 of the steering wheel, the strips 22 are mated, thereby securing the cover 10 in place on the wheel 12. This construction allows the covers 10 to be moved according to one's driving habits or removed completely.

[0026] In an alternate embodiment, the stress relief covers 10 may be incorporated directly into the steering wheel 12. This may be accomplished by incorporating the particulate material 20 directly between the outer layer 16 and the rim 14 of the wheel 12 or spokes 24 contained within the rim 14 of the steering wheel 12, as seen in FIG. 2 or FIG. 4. In this embodiment, bulges 26 situated strategically around the rim 14 of the wheel or the entire spoke 24 comprise the layers described above. When stressed, a driver would place his or her hands around one or more of the spokes 24 or bulges 26 and squeeze in order to relieve stress.

A review of paragraphs [0008], [0024], [0025], and [0026] relied on by the Examiner to anticipate the second section of claim 14 demonstrates Gemma does not teach or suggest at least the following of claim 14:

the second section for providing resting support for at least a portion of a vehicular operator's body when pressure from the portion of the vehicular operator's body on the second section is less than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel, and deforming out of interference with the vehicular operator's ability to operate the steering wheel when pressure from the portion of the vehicular operator's body on the second section is equal to or greater than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel. [Emphasis added.]

Nothing in Gemma provides for the deforming of the sacs out of interference with the operation of the steering wheel, only that the sacs are squeezed to relieve stress. Therefore, Gemma does not teach or suggest fatigue relief as the Examiner contends, nor does Gemma teach or suggest the sacs will deform out of interference with the operation of the steering wheel. As such, Applicant has demonstrated that Gemma does not anticipate claim 14.

Claims 18 and 19/18 depend from claim 14. As such, claims 18 and 19/18 add features to claim 14. Therefore, since claim 14 is not anticipated by Gemma, then claims 18 and 19/18 are not anticipated by Gemma for at least the same reasons. Applicant has traversed the Examiner's anticipated rejection based on Gemma as applied to claims 14, 18, and 19/18. Applicant requests that this anticipation rejection be withdrawn.

VI. CLAIM 14 IS NOVEL IN VIEW OF PARK

The Examiner rejected claim 14 under 35 U.S.C. § 102(b) for anticipation based on Park. Park is directed to a steering wheel safety cover to prevent injuries to the driver from impacting the steering wheel. The safety cover has an inflatable cavity on the side of the steering wheel facing the driver that may be filled with air, a liquid, or a solid elastic material. The safety cover wraps around the outer circumference of the steering wheel. To support the Examiner's anticipation rejection, he cites claims Figure 7 and 1-15 as anticipating the second section of claim 14. Figure 7 of Park is shown below:

1. A safety cover adapted for use with a steering wheel having a generally circular handgrip portion, comprising:

(b) a mounting portion (60) operable to mount said impact reducing portion to said handgrip portion;

2. A safety cover as defined in claim 1, wherein said impact reducing material is air.

4. A safety cover as defined in claim 1, wherein said impact reducing material is a solid elastic material.

16

wheel handgrip portion; and further including mounting wire means (25) imbedded within the edges of, and extending parallel with, said groove.

6. A safety cover as defined in claim 1, and further wherein said mounting portion is integral with said impact reducing portion and includes an annular protruding portion that extends within a corresponding recess (1a) contained in said steering wheel.

7. A safety cover as defined in claim 6, and further including an outer leather sheet cover layer arranged concentrically about, and adhesively bonded to, said impact reducing portion, and string means extending around said steering wheel for connecting together the edges of said leather sheet cover layer, thereby to retain said safety cover on the steering wheel.

8. A safety cover as defined in claim 1, wherein said impact reducing partition wall portion includes;

- (1) an annular air tube (21b);
- (2) a layer of reinforcing cloth (30) arranged around the circumference of said air tube; and
- (3) a layer of leather (41) arranged around the circumference of said cloth layer.

9. A safety cover as defined in claim 8, and further wherein said leather layer is adhesively bonded to said cloth layer and includes an end portion connected by a line of sewing (42) with a mid portion thereof.

10. A safety cover as defined in claim 8, wherein said layer of leather is sewn at one edge by a line of sewing (42) with a wing portion (20) of said air tube, said leather layer extending circumferentially around said air tube and partially around the circumference of said steering wheel handgrip portion, and further including a string (28) mounted in the other edge portion of said leather layer, the ends of said string being exposed.

11. A safety cover as defined in claim 8, and further wherein circumferentially spaced portions of said air tube are bonded together by high frequency energy to define a plurality of independent air pockets 22b.

12. A safety cover as defined in claim 1, wherein said mounting portion includes:

- (1) a layer of reinforcing cloth (30) extending concentrically about said partition wall portion;

(2) a layer of leather (41) wrapped around said partition wall portion and including a hem portion extending partially around the steering wheel handgrip a hem portion extending partially around the steering wheel handgrip portion, thereby to define said mounting portion; and

(3) a string element (28) mounted on the free edge of said leather layer hem portion for tying said leather layer to the steering wheel.

13. A safety cover as defined in claim 1, and further including means for inflating said chamber with air, including: (1) an air pump (52); and (2) an air hose (51) connecting said air pump with said air tube.

14. A safety cover as defined in claim 13, wherein said inflating means further includes an air valve (58) connected with said air tube, and an injecting needle (59) connecting said air hose with said air tube via said air valve.

15. A safety cover as defined in claim 1, wherein said partition wall contains a plurality of air holes (23).

A review of Figure 7 and claims 1-15 demonstrates Park does not teach or suggest at least the following of claim 14 other present application:

the second section for providing resting support for at least a portion of a vehicular operator's body when pressure from the portion of the vehicular operator's body on the second section is less than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel, and deforming out of interference with the vehicular operator's ability to operate the steering wheel when pressure from the portion of the vehicular operator's body on the second section is equal to or greater than the pressure for deforming the second section out of interference with the vehicular operator's ability to operate the steering wheel. [Emphasis added.]

Nothing in Park provides for the deforming of the steering wheel cover out of interference with the operation of the steering wheel. All that is provided is an inflatable cavity that will give the driver some level of impact protection. Further, Park does not teach or suggest fatigue relief as the Examiner contends. As such, Applicant has demonstrated that Park does not anticipate claim 14.

Applicant has traversed the Examiner's anticipation rejection based on Park as applied to claim 14. Applicant requests that this anticipation rejection be withdrawn respect to claim 14.

VII. ANSON, GEMMA, AND PARK DO NOT PROVIDE RESTING SUPPORT

At numbered paragraph 11 on page 7 of the Office Action, the Examiner seeks to refute Applicant's position the steering wheel attachment of Anson does not provide resting support. Applicant submits that neither Anson nor Gemma and Park teach, suggest, or render obvious resting support according to the claims of the present invention.

The Examiner has rejected claims 14-17, 19/17, 24, and 27 for anticipation under 35 U.S.C. § 102(b) based on Anson, claims 14, 18, and 19/18 for anticipation under 35 U.S.C. § 102(b) based on Gemma, and claim 14 for anticipation under 35 U.S.C. § 102(b) based on Park. In each of these grounds of rejection, the Examiner has advanced that Anson, Gemma, and Park anticipate the feature of "resting support" being provided by the present invention. Applicant, however, submits that this is not factually true, and at least with respect to Anson was recognized as a distinguishing feature between the claims of present invention and Anson by the Board of Patent Appeals and Interferences.

In the Office Action, the Examiner at Page 8 acknowledges that functional features of an apparatus claim can distinguish it from the prior art cited by the Examiner. With regard to Anson, the Examiner argues that "resting support" is an inherent feature of the Anson attachment. This position of the Examiner is predicated on the Anson attachment being bulbular in shape and being gripped by the driver's hand. The Examiner attempts to support the contention Anson provides a "resting support" by quoting a definition of the term "rest" from the *Merriam-Webster's Collegiate Dictionary*. Then, the Examiner makes the unnatural extension of this by stating when the driver places his hand on the bulbular portion, the driver rests his hand on that portion. Next, the Examiner makes a conclusory statement unsupported by Anson that "[s]imply put, Anson's second section inherently provides resting support for at least the hand, *i.e.*, the portion of the vehicle operator's body as claimed." The Examiner takes his position despite evidence to the contrary in Anson.

Anson at Page 1, Right Column, Line 53 -- Page 2, Left Column, Line 4 states:

Grip portion 11 normally extends downwardly from the wheel rim and is of suitable length to adapt same to extend to the region of the driver's lap so that it may be grasped by the driver's hand when his hand is resting in a normal comfortable position in his lap.

In unequivocal language, Anson specifically describes “resting support” be provided by the driver’s lap and not by the Anson attachment. The Examiner’s parsing of the words “resting support” to first describe the word “rest” based on a dictionary definition and then attempt in a conclusory manner to expand the definition of “rest” to “resting support” is clearly improper. This is especially inappropriate given that Anson describes “resting support” with regard to the Anson attachment within the four corners of Anson.

The fact that the Anson attachment did not provide “resting support” was recognized by the Board of Patent Appeals and Interferences in the Oral Hearing Transcript at pages 14-16.¹ The Examiner appears to not recognize this position of the Board.

Further, the grasping of the Anson attachment for purposes of control of the vehicle would require that the driver squeeze and hold the bulbular section 11. By grabbing and holding the bulbular section, there would not be any inherent resting support provided to the driver. Accordingly, this is another basis by which it would be understood that Anson does not provide resting support as the Examiner contends.

At Section V of this Amendment, it describes the alleged invention of Gemma as a “C” shaped steering wheel cover with stress relief sacs that are squeezed by the driver for stress relief during vehicle operation. When the sacs are squeezed, they are not providing fatigue relief; and when the sacs are not in use, there is nothing to indicate within the four corners of Gemma that they provide any type of fatigue relief. Moreover, there is nothing inherent about the sacs that would indicate they would provide fatigue relief and be deformable out of interference with the operation of the steering wheel as claimed in the present application.

At Section VI of this Amendment, it describes the alleged invention of Park as a steering wheel cover intended to reduce the effects of the impact of the steering wheel. To the extent that the steering wheel cover of Park is grasped by the driver, it may have some softness based on the cavity within the steering wheel cover being filled with air, liquid, or a solid elastic body. There is nothing within the four corners of Park that would be inherent to lead one skilled in the art to understand the steering wheel cover of Park would provide “resting support” for the driver. To

¹ Attachment A.

the extent that the driver is grasping the steering wheel cover of Park, he/she would have their hands around the steering wheel cover squeezing it, which would not be resting support according to the present invention. Further, there is nothing in Park to support that it would be deformable out of interference with the operation of the vehicle as claimed in the present application.

Noting the above, Applicant has demonstrated that neither Anson, Gemma, or Park teach, suggest, or render obvious the "resting support" feature of the claims of the present invention. Accordingly, Applicant has refuted the Examiner's contention that "resting support" is an inherent feature of Anson, Gemma, or Park.

VIII. CONCLUSION

Claims 14-19, 24, and 27 are pending in the present application. Herein, Applicant has traversed the Examiner's rejection of claims 14-17, 19/17, 24, and 27 for anticipation under 35 U.S.C. § 102(b) based on Anson, the rejection of claims 14, 18, and 19/18 for anticipation under 35 U.S.C. § 102(b) based on Gemma, and the rejection of claim 14 for anticipation under 35 U.S.C. § 102(b) based on Park. Having traversed the objection and each of the rejections, the present application is in condition for allowance.

The present invention is new, non-obvious, and useful. Reconsideration and allowance of the claims are respectfully requested and application be passed to issue in due course.

Applicant includes a Petition for One-Month Extension of Time and payment of the fee. The Director is hereby authorized to charge Deposit Account No. 08-0219, under Order No. 0114089.121US2, the amount of \$65.00 for the one-month extension of time.


Application No.: 10/727,306
Amendment dated February 24, 2010
Reply to Office Action of November 19, 2009

Attorney Docket No.: 0114089.121US1
Date of Electronic Deposit: February 24, 2010

Applicant believes no fee is due with this Amendment. However, if there is a fee due, please charge Deposit Account No. 08-0219, under Order No.: 0114089.121US2 from which the undersigned is authorized to draw.

Respectfully submitted,

Dated: February 24, 2010



Wayne M. Kennard
Registration No.: 30,271
Attorney for Applicant(s)

Wilmer Cutler Pickering Hale and Dorr LLP
60 State Street
Boston, Massachusetts 02109
617-526-6000 (telephone)
617-526-5000 (facsimile)

Attachment A



UNITED STATES PATENT AND TRADEMARK OFFICE

UNITED STATES DEPARTMENT OF COMMERCE
United States Patent and Trademark Office
Address: COMMISSIONER FOR PATENTS
P.O. Box 1450
Alexandria, Virginia 22313-1450
www.uspto.gov

| APPLICATION NO. | FILING DATE | FIRST NAMED INVENTOR | ATTORNEY DOCKET NO. | CONFIRMATION NO. |
|-----------------|-------------|----------------------|---------------------|------------------|
|-----------------|-------------|----------------------|---------------------|------------------|

| | | | | |
|------------|------------|-------------------|------------|------|
| 10/727,306 | 12/03/2003 | Douglas B. Wilson | 114089.121 | 5202 |
|------------|------------|-------------------|------------|------|

23483 7590 09/14/2009
WILMERHALE/BOSTON
60 STATE STREET
BOSTON, MA 02109

| |
|----------|
| EXAMINER |
|----------|

LUONG, VINH

| | |
|----------|--------------|
| ART UNIT | PAPER NUMBER |
|----------|--------------|

3656

| | |
|-------------------|---------------|
| NOTIFICATION DATE | DELIVERY MODE |
|-------------------|---------------|

09/14/2009 ELECTRONIC

Please find below and/or attached an Office communication concerning this application or proceeding.

The time period for reply, if any, is set in the attached communication.

Notice of the Office communication was sent electronically on above-indicated "Notification Date" to the following e-mail address(es):

michael.mathewson@wilmerhale.com
teresa.carvalho@wilmerhale.com
sharon.mathews@wilmerhale.com


WILMER CUTLER PICKERING
HALE and DORR LLP DOCKETING

RE: 114089.12.1057

Action Date: _____

Action to be Taken: _____

Docketed By: [Signature] On: 9-14-09



PROCEEDINGS

1
2
3 THE USHER: Calendar number 59 and Calendar Number 60,
4 Mr. Kennard.
5 JUDGE HORNER: Good afternoon, Mr. Kennard.
6 MR. KENNARD: Good afternoon. How are you?
7 JUDGE HORNER: Do you happen to have a business card you can
8 provide to the court reporter?
9 MR. KENNARD: I do, Your Honor.
10 COURT REPORTER: Thank you, sir.
11 MR. KENNARD: I'd like to introduce Doug Wilson, who is the
12 inventor, and his wife Pamela Wilson.
13 JUDGE HORNER: Thank you.
14 MR. KENNARD: For ease and convenience, I've put together -- these
15 are just the pertinent parts of the record and talking about the references at
16 issue. And I've also provided an even shorter-hand version, which has
17 Mr. Wilson's invention of the two patents at issue and also the references, how
18 they were described by the Examiner in applying to portions. I've only used
19 one of them since the three anticipation rejections are the same for both the
20 '306 and the '821.
21 JUDGE HORNER: And this is information that is in the record?
22 MR. KENNARD: This is all in the record, yes.
23 JUDGE HORNER: Okay.
24 MR. KENNARD: It is just the -- and I will go through -- if you look at
25 it, Your Honor, it is just Mr. Wilson's figure 3 and 4 and the claim 20, which is

1 the only independent claim. The second page is claim 14, the only
2 independent claim.

3 Next is the first reference, Van Arsdel, and this is from the record from
4 the Examiner where he indicated the first and second section. The Anson
5 reference, the same type of information, and followed by the Laubach.

6 JUDGE HORNER: Since the art is the same in both cases, what we
7 thought we would do is have you -- if you'd like, you can present sort of both
8 cases together in 40 minutes instead of doing one case in 20 and then the other.
9 Is that okay with you?

10 MR. KENNARD: I was hoping that would be the case.

11 JUDGE HORNER: That is fine. And then we'll put a transcript in each
12 record of the same transcript.

13 MR. KENNARD: Thank you very, very much.

14 To get started, I would like to -- sometimes a visual is better than many
15 things. This is what we're talking about with Mr. Wilson's invention. And we
16 put it on the steering wheel but it would be secured.

17 If you look at the first page of -- the PowerPoint is very easy -- where it
18 shows figures 3 and 4, when this is fixed and you're driving, your hand would
19 rest on here. It rests and is supported. And that, as we talk about, is a first
20 section that attaches. The second section, which extends from the first section
21 at an angle to the plane, your hand rests on here.

22 Well, what happens, as we all do, when we have an emergency
23 situation, somebody stops forward, which is the invention we're looking at.
24 What can you do with this? You grab it and look exactly what it does.

25 The support that it had, you've gone beyond deforming pressure and

1 you're able to grab the wheel, to securely grab it, so you can properly operate
2 the vehicle.

3 And you're sitting there again as you're riding along, hand rests, it
4 provides the medical benefits, which that is not part of this, but so you don't
5 get fatigued. But something happens, once again, you can grab it.

6 And that is why sometimes -- if I may approach?

7 JUDGE HORNER: Yes.

8 MR. KENNARD: So you don't think it is lawyers doing this. If
9 someone would grab that, you can see if your hand is resting and you pull, it
10 will actually -- you can rest it there.

11 JUDGE HORNER: Okay.

12 MR. KENNARD: The two cases that you have before you, there is
13 common interest as Your Honor did provide and it has to do with the
14 anticipation rejection under 102.

15 Under 102, the standard is going to be one in which a single reference
16 must add each and every element in the same way for there to be an
17 anticipation of rejection. That is common to both cases -- I'll call it the '821
18 and the '306, if you don't mind the shorthand.

19 The second issue goes to the '306 case, which looking at the claim 14, is
20 where we have rigid, semi-rigid, deformable and inflexible in that claim.

21 JUDGE O'NEILL: Counsel, it would probably be easier for us if you
22 would refer to the two cases, not by their serial numbers, because we'll start to
23 get confused, but maybe by -- you've argued them as groups, so you have
24 claim 20 in one case and then claim 14 in the other case. And that would help
25 with how we kind of see them.

1 MR. KENNARD: Okay.

2 JUDGE HORNER: We use appeal numbers rather than the serial
3 numbers up here, so we don't always reference them by their application
4 numbers.

5 MR. KENNARD: You want me to use the appeal number? I will do
6 that.

7 JUDGE HORNER: That would be fine.

8 JUDGE O'NEILL: If you can.

9 MR. KENNARD: If I say the 0506 and the other is the 1868.

10 The second one, the 1868 case is one in which there is the second issue,
11 and that is the indefinite issue of the use of the terms rigid, flexible, semi-rigid
12 and deformable.

13 If you notice the filing dates of these two cases, they are within a couple
14 of weeks. The first was filed, and that was the 5608 case. And then
15 Mr. Wilson recognizing that there may be a new-matter issue of adding the
16 information of rigid, semi-rigid, flexible and deformable, those defining terms
17 to the second section, the second CIP was added. So he wasn't trying to argue
18 it. He said fine. He did that and that was provided in the --

19 JUDGE HORNER: You're referencing rigid, semi-rigid or flexible and
20 deformable, but the claim says nondeformable.

21 MR. KENNARD: That was corrected in the course of the --

22 JUDGE HORNER: Okay.

23 MR. KENNARD: -- in the prosecution.

24 JUDGE HORNER: Okay.

25 MR. KENNARD: The -- and when that change was made, it was not

1 to add new matter; it was for the purpose of making sure there was a clarity of
2 the issue with respect to the first and second section and what applied to the
3 second section.

4 JUDGE HORNER: The claim's appendix reads -- I think it is the old
5 version of the claim. The claim's appendix to your brief reads nondeformable,
6 so we were getting a little confused.

7 MR. KENNARD: Okay.

8 JUDGE O'NEILL: So it actually reads rigid, semi-rigid, flexible or
9 deformable?

10 MR. KENNARD: Let me get the change of the claim. It was in -- I will
11 find it, Your Honor. I will find what it is. It was cited in the 30 -- in the 16 --
12 1568 case.

13 JUDGE KERINS: 1868?

14 MR. KENNARD: 1868. I am sorry.

15 JUDGE KERINS: That is fine. I just want to make sure we have the
16 right one.

17 JUDGE O'NEILL: What is your serial number for your 1868 case?

18 MR. KENNARD: That is '306.

19 JUDGE HORNER: 10/727,306.

20 MR. KENNARD: When we cited --

21 JUDGE O'NEILL: When did you add that correction, do you know?

22 MR. KENNARD: That is what I'm getting at, Your Honor.

23 JUDGE O'NEILL: I mean, did you do it in an amendment of some sort?

24 MR. KENNARD: It was during the prosecution in an amendment.

25 JUDGE HORNER: Can you remember when?

1 MR. KENNARD: I'm looking at the response.

2 JUDGE O'NEILL: Give me a general month, year.

3 MR. KENNARD: Yes. I'm looking. It has nondeformable in the
4 response dated June 12, 2006, that we filed.

5 JUDGE O'NEILL: Unfortunately, that was nonresponsive. Why was
6 that held nonresponsive? So that didn't go in. Wait a second. No, it is --
7 something was nonresponsive. Did they put that in?

8 JUDGE KERINS: Counsel, even in that paper, it continues to use the
9 word non-deformable as well as an amendment after final that was filed after
10 that.

11 JUDGE O'NEILL: So it has not been entered based on our electronic
12 records. Even the amendment of January 2006 it was never changed. You
13 added peripheral to the first section.

14 JUDGE HORNER: We were having a little trouble figuring out how
15 the second section could be made of a non-deformable material but then later
16 the claim requires it to deform, and if indeed you meant to say deformable
17 here, that would make that issue go away and be clearer to us as to how -- it
18 would make more sense if it were a deformable material.

19 JUDGE KERINS: That wasn't the Examiner's specific 112 --

20 MR. KENNARD: No, it wasn't.

21 JUDGE KERINS: -- objection. But in our review of the case, we
22 picked up on that and we're trying to determine if that raised a separate 112
23 issue.

24 MR. KENNARD: Right.

25 JUDGE O'NEILL: I have nothing in the record here of that ever

1 being changed.

2 MR. KENNARD: I will find it. If I could complete the argument and
3 then I will find it.

4 JUDGE HORNER: Absolutely.

5 MR. KENNARD: As to the issue -- with respect to the issue -- the
6 indefiniteness matter, it was cited by the Examiner that the terms that were
7 used were indefinite, and he cited a number of references, case citations, the
8 main one being Phillips, and we changed our position along the way. And
9 we'll get to that. But I just want to frame the two issues that are before you.
10 I would like to address the anticipation rejection first. As I
11 demonstrated and what the current form of claim 20 -- this is going to be in the
12 first application, which is 5608, if I'm correct.

13 JUDGE HORNER: That is right.

14 MR. KENNARD: And 5608, it includes the first section, which again,
15 as we've shown here, is attached to the steering wheel.

16 The second section, which we talk about, is going to be extending at an
17 angle from the plane and as -- during prosecution it was raised the issue about
18 the limitations with respect to the second claim. That precipitated the
19 changing of the claim to provide for the issue of deforming pressure. When
20 deforming pressure is provided, that would then cause it to form out of
21 interference with holding the steering wheel.

22 This action was shown to the Examiner during prosecution, and when
23 we looked at the references, the three that you have, we looked at those of not
24 providing what was under the standard of section 102 for anticipation.

25 If we look first at the Van Arsdel reference, and if you look, I think it is

1 going to be on page 3 -- page 4 rather of the little shorthand version, the
2 Examiner cited, if you see the first arrow going across, where the element
3 which is shown at 2 where it contacts the wheel, he has shown as being the
4 first section, what he has at 4.

5 I hope you can see it.

6 JUDGE HORNER: Uh-huh.

7 MR. KENNARD: That is what he says the first section. Then the
8 second section he indicates is this second -- item 2, which he said has a
9 concave surface of which a palm rests. The operation as articulated in the
10 briefing, once that is put on, if you look at it in figure 6, it is in -- we feel that
11 is in the plane of the wheel because it is going across the wheel on the interior
12 portion.

13 And the Examiner says the little tip, if you look where 4 goes up, he
14 says that is where it is -- it provides a part of the second section that is -- that
15 extends from the plane out -- you know, out of that plane. But that is not
16 providing, what we say in the second section, support.

17 Further, if you look at the way this is constructed, when they screw, if
18 you see screw 14, they tighten this down onto the wheel and it doesn't move.
19 And the Examiner argued that, well, you know, it can be moved, so that makes
20 it deformable. To do that, you have to unscrew it. That is removing. That is
21 not deforming. That is a physical act you have to take to unscrew it and move
22 it.

23 And the only safe way you could do that -- I guess you could try --
24 people drive with cell phones these days. I guess you could try to unscrew it
25 while you're driving, but I think that would be outside of what this is talking

1 about doing. The movement of that would be something that is necessary to
2 move it from one place to another, but that is an act of moving it, removing it
3 and putting it in another location.

4 And so when we talk about -- again, here we are. And we try to use
5 precise language and say when you have it here, it is resting. But when you
6 have to put more than deforming pressure, it is going to bend that around so it
7 is going to be safely used in those type of situations.

8 The second reference is Anson, if you turn to next page. This is a little
9 bit different, but as we argued in the Brief, it doesn't provide any type of
10 support in and of itself. This is something -- if this were the wheel, we'll move
11 it around here since we have to use it. It would be dangling down here. It
12 dangles down and someone holds it supposedly to hold the wheel.

13 It states very clearly and unequivocal that the resting takes place
14 because your hands are -- and I don't want to sit down in front of Your
15 Honors -- but it is -- it says you put your hands -- tells you you put your hands
16 in your lap and that provides the resting, and you hold on to this dongle.

17 That dongle then is -- I don't know if I want to use it or not, but the
18 dongle is supposedly there, but it doesn't provide support. It says clearly it is
19 the lap that provides the resting portion for your hands.

20 JUDGE O'NEILL: What about your fingers?

21 MR. KENNARD: Well, I think you'd be doing just the opposite. If
22 you're looking to relax your hands and wrists, grabbing and holding is not
23 relaxing.

24 JUDGE O'NEILL: Well, your claim just requires a second section for
25 supporting at least a portion of the vehicle operator's body. So it could be a

1 finger, could it not?

2 MR. KENNARD: Supporting a finger?

3 JUDGE O'NEILL: Yes. It is a part of a vehicle operator's body, isn't it?

4 MR. KENNARD: I'm saying you have to grasp though. This is
5 supporting; this is grasping.

6 JUDGE O'NEILL: Well, it looks to me that the wheel attachment is
7 kind of an oblong, maybe egg shape, and it is made out of a rubber-type
8 material. That the friction along with that oblong egg shape would allow you
9 just to have your fingers hold on.

10 I mean, there is some friction going on to just hold it in place just as
11 similar as your wheel is of some sort of frictional material. If that was not a
12 frictional material, wouldn't your hand just slide right off of it?

13 MR. KENNARD: Here? If it is here?

14 JUDGE O'NEILL: Wouldn't your hand, if that was made of a
15 frictionless material, wouldn't your hand just slide right off of it?

16 MR. KENNARD: Like this?

17 JUDGE O'NEILL: Yes. It wouldn't maintain some support.

18 MR. KENNARD: It wouldn't maintain support then.

19 JUDGE O'NEILL: Right.

20 MR. KENNARD: If this --

21 JUDGE O'NEILL: I'm saying basically that the materials disclosed in
22 Anson and the material that appears to be what you're invention is made of are
23 pretty much similar and that there is some frictional force that is assisting in
24 the support. It is not just the structure itself.

1 MR. KENNARD: There is support provided. It can be something on
2 here or it could be integral with the wheel.

3 JUDGE O'NEILL: But I'm just having difficulty seeing why the Anson
4 reference doesn't in some shape, way or form because of its shape and because
5 of its materials that are being used to fabricate it that it doesn't provide some
6 support to some body part.

7 MR. KENNARD: In my reading, Your Honor, they talk about what is
8 provided, and they talk about the lap, and I'm reading what the spec said and
9 this is what the disclosure of Anson talked about. And I cited to it within the
10 Appeal Brief that what -- the resting part is caused by the lap.

11 JUDGE O'NEILL: Maybe you'd like to move now into what really
12 seems to be the issue between yourself and the Examiner -- not what is
13 expressly or explicitly disclosed in the reference, but what is inherently
14 disclosed in the reference.

15 And maybe this is now getting into that portion of what is inherently
16 disclosed in the reference and what is your burden to show if the Examiner
17 says that something is inherently disclosed. What is your burden and have you
18 met that burden?

19 MR. KENNARD: Inherency is that if there is a reference and there is --
20 if inherently that would carry it out as one of skill in the art would understand,
21 then that cannot be a distinguishing feature for purposes of patentability.

22 I think the inherent -- in looking at what you're mentioning. If, in fact,
23 the inherent nature -- this is the dongle -- I'll just call it the dongle -- in Anson
24 were to be the resting area and resting of hands, you would not -- let's move it

1 to the top. We'll move it to the top. And we're holding up there. Would it be
2 causing a situation where you have the resting of the hands?

3 And the resting as they indicate to me in order to, one, at the base -- and
4 I don't think it is inherently resting in this case of Anson because you want to
5 hold this thing to hold the wheel. You're holding this. You're resting your
6 arms but they're saying you're going to control the car with the dongle.

7 Here, when we're holding this we're resting our wrist, but we're -- we're
8 resting our wrists but we're holding on with your fingers.

9 JUDGE KERINS: Counsel, in your invention when you're resting your
10 wrists there, surely you're going to have some movement of the wheel back
11 and forth that won't require you to actually deform and grip the wheel; isn't
12 that correct?

13 MR. KENNARD: That is correct. But you are resting -- it is the not the
14 lack that is causing it; it is the unit. The second section is causing the resting
15 effect to take place.

16 If you look at the dongle, this dongle is one you have to hold and you're
17 sitting like this, and I'm trying not to be informal. You're resting and you're
18 holding the dongle supposedly to control the car.

19 The lap itself is the one that is providing -- if we were trying to equate
20 the two, it is not the device that is doing it; it is the lap that is causing what is
21 happening in the second section, not the dongle.

22 JUDGE KERINS: Counsel, we also in the anticipation arena where I
23 believe the claim language we're discussing here is pretty much functional
24 language, isn't it true that the prior art only needs to be capable of performing
25 that function?

1 MR. KENNARD: And that is why I say -- and take the capability issue.
2 If, in fact, you move -- and that is why I said let's move the dongle up top.
3 And is it capable of doing it where it is going to be independent of the lap?
4 Then you have nothing -- there is nothing to rest. From the use of that, it is not
5 inherent that that is going to provide anything.

6 The other part is --

7 JUDGE KERINS: Counsel, isn't it supporting the hand when you have
8 the dongle at the top? Isn't that supporting the hand?

9 MR. KENNARD: It is support but it is not providing any type of resting
10 effect, which is provided by the lap. The lap is clearly what provides it, and if
11 it is moved to the other place, it is not doing it.

12 The other is if you use the dongle, and I think it is argued that you can
13 move it anywhere, you're going to be -- the way this is structured -- and it
14 would be sitting here and you'd be reaching through here to try to use this
15 under the construction they talk about. This will be the Anson dongle.

16 JUDGE HORNER: So are you interpreting the word "supporting" in
17 your claim to mean some sort of resting on as support rather than supporting,
18 for example, by hanging from?

19 MR. KENNARD: From the second section. We're talking about
20 support for the second section, which is the resting effect, which is here for the
21 wrist. The wrist is sitting here. Your fingers are on the wheel, but the resting
22 effect is there, and you can do this when it happens.

23 JUDGE HORNER: But your claim says the second section for
24 supporting a portion of the operator's body, not a second section adapted to
25 allow the operator's body to rest on that piece. And we're saying there is a

1 difference between supporting and what you're talking about as resting.

2 Is there anything in your spec that defines supporting so that we would
3 narrowly construe it to mean resting on rather than, for example, hanging
4 from?

5 MR. KENNARD: In the spec, we talk about the relieving function. Let
6 me look at the spec now. But that is what it provides on the second section.

7 JUDGE O'NEILL: I see at the very end there is a second embodiment
8 that mentions this thing may be a single structure with a single resting material
9 support, a single structure with multiple resting supports and multiple
10 structures with their own resting support. Page 6 of your spec.

11 MR. KENNARD: That is the resting support we're talking about.
12 Thank you, Your Honor.

13 JUDGE O'NEILL: So you want us to narrowly construe this to that
14 second --

15 MR. KENNARD: The intent of a second section is a resting support.
16 That is what it does. And that is what -- when we say deforming pressure
17 when supporting the hand, it is the resting support it provides. And that is --
18 well, the preamble can't be read, but it is fatigue-relieving. It is that support
19 from sitting there. And this, when you have to do it, it changes when you have
20 to grab the wheel.

21 JUDGE O'NEILL: Where was this argued in the Brief? I see you've
22 cited to the particular lap aspect, but the argument appears to be -- basically,
23 the argument appears to be the little dongle just wouldn't be in use during an
24 emergency situation. You'd grab the steering wheel.

25 MR. KENNARD: If in --

1 JUDGE O'NEILL: It wouldn't perform as set forth in claim 20, the hand
2 grip would not perform as set forth. So I don't even see where all of this
3 resting has even been argued except for right now before us.

4 MR. KENNARD: Your Honor, the resting support, it wasn't argued in
5 the Brief. It was raised now, and during the prosecution, discussion back and
6 forth was the operation of either of the three references, which were Anson,
7 Van Arsdel and Laubach.

8 JUDGE O'NEILL: Well, we're not dealing with method claims; we're
9 dealing with an article claim at least in these two cases before us. Where is
10 use coming in on this? Use is kind of a process.

11 MR. KENNARD: The use you're talking about, the resting support?

12 JUDGE O'NEILL: Well, it looks like your arguments are to the use and
13 the art wouldn't be used. And as Judge Kerins said, in the anticipation arena it
14 either can be expressly disclosed or it is capable of being used in a manner and
15 it would read on the claim. It doesn't have to be used in that manner.

16 MR. KENNARD: And the resting -- and I think as was raised earlier,
17 the dongle -- the capability of the dongle being in and of itself used as resting
18 support, we did not see it doing that. We saw the gripping of it, but its
19 reference talks about the lap being the place where the support is provided.

20 JUDGE O'NEILL: That is what Judge Kerins said, well, as long as it
21 could be capable of doing it, it doesn't necessarily have to expressly state and
22 that is where Judge Kerins talked about it was capable of supporting the hand
23 because that is what we see in the claim is support. We don't see rest.

24 And now you're asking us to interpret this claim in a manner that
25 focuses on a second embodiment that is at the very end of a specification. Is

1 that how you're asking us to interpret this claim now? Where you didn't ask
2 that -- it doesn't appear you asked that in the Brief, and I don't know if you've
3 asked that before the Examiner.

4 MR. KENNARD: That was not raised during prosecution specifically,
5 Your Honor.

6 JUDGE O'NEILL: Okay. So we're supposed to give the claims the
7 broadest reasonable interpretation in light of the specification and not limit it
8 to just one particular embodiment.

9 MR. KENNARD: I understand that, Your Honor.

10 JUDGE O'NEILL: I think that what actually -- when we reviewed the
11 record, what seemed to be really the major issue between you and the
12 Examiner is actually the very last portion of claim 20 that deals with the
13 deformation of -- the deforming out of interference and that you appear to have
14 said, well, even if -- just for argument sake there might be some inherency
15 going on here, we'll prove to you that it cannot do it.

16 And so we would like you to go through each reference and maybe
17 explain to us why there are three 102s and why they are not capable of
18 performing the second section's function. We are assuming the Examiner did
19 make the prima facie case of anticipation and invoked the idea of inherency, as
20 such the burden shifts to the Appellant to come up before us or before the
21 Examiner and show that the prior art does not have this inherent feature.

22 And it seemed to be that that inherent feature that the Examiner was
23 relying or pointing to was the deformation out of interference. So what can
24 you say with the three references -- in each reference that would show to us --

1 satisfy us that each of these three references is incapable of performing that
2 functional language of deforming out of interference?

3 MR. KENNARD: Starting with Van Arsdel, that reference when
4 screwed in place does not deform out of interference.

5 And we'll take Anson since that is the last one. If you look at -- again,
6 you go to the Laubach, it has screws that go through the steering wheel and it
7 will not deform out of interference, the use of the dongle deforming out of
8 interference.

9 And when we looked at the reference trying to figure how that would
10 happen is that you're holding -- if you're holding the dongle at the bottom -- I
11 mean, where it is disposed and shown disposed, the grabbing of the wheel
12 would be, we believe, that you'd grab the wheel.

13 I mean, if something happens you grab the wheel. It doesn't show
14 where you would grab over the dongle to try to be -- inherently go out of
15 interference.

16 The dongle is in a place where if you're holding it -- I'm assuming your
17 hands are on top of each other if that is the case, or one hand and you would
18 grab the wheel we would guess somewhere on the side to get control of the
19 car. And we did not see where it would be inherent that that would be moving
20 out of interference.

21 We tried to put it in a position and that is when we tried to argue where
22 it would be in a position to do that. I mean, if you grabbed that, you would be
23 looking for an inherency issue. And that would be if it was at the top because
24 that is where you would be grabbing the wheel as we understand.

1 Because what they talk about in the reference Anson, they talk about
2 you move -- where you normally hold it, you move your hands to the bottom
3 for the resting portion on your lap, and that is why we didn't see where it had
4 the inherent nature of the moving out of interference if you had to grab the
5 thing in an emergency situation.

6 JUDGE O'NEILL: Okay. So what I heard from you with the first two
7 references, you identified some clear structural mechanisms that would --
8 could possibly defeat the inherency position that the Examiner has set forth.

9 And for the Anson reference you've argued its use or how it is used or
10 where it is located, basically. And your position is that the operator would not
11 be using it. He would be grabbing the wheel.

12 MR. KENNARD: We're looking at -- and I understand your question is,
13 is it capable of doing it? And I think you are asking -- this is Judge Kerins
14 said is it capable of doing it. We didn't see it was capable because we tried --
15 in looking at what the reference taught and where the reference teaches you
16 about this dongle, the dongle being -- is disposed below the steering wheel. It
17 is the only place it said dispose of.

18 You can -- again, you can remove it and put it someplace else. And
19 we're trying to figure where that is because they talk about to be able to move
20 and hold the wheel in a driving position, and I assume going straight. I don't
21 know. It would be very difficult to turn. I don't know how they'd do it. But
22 the point is, it is sitting down here in your lap. That is the only disposition we
23 see of it.

1 And the holding of the wheel was never associated with that dongle. At
2 least in the first two mentioned, those being Van Arsdel and Laubach. There is
3 association of the wheel and the unit. In the Van Arsdel, at least you're
4 holding the wheel. In the other, they have the two things which you're
5 holding out here which is associated with the wheel.

6 The third one is the hands are disposed away from the wheel and not
7 associated with it. And the grabbing of the wheel seems to be nowhere
8 associated with that dongle. They talk about moving from the 10:00 and 2:00
9 position down to the bottom.

10 JUDGE HORNER: In an emergency if you were to grab the wheel right
11 around where this dongle is attached to the wheel, wouldn't it deform out of
12 your way just by virtue of the material it is made from?

13 MR. KENNARD: It may.

14 JUDGE O'NEILL: Couldn't -- if you had to do really a hard turn to the
15 left and a hard turn to the right, you would eventually grab hold of it? Say you
16 were making a U-turn or something, you have to turn the wheel once or twice
17 around. Wouldn't it --

18 MR. KENNARD: You mean if you're spinning the wheel around?

19 JUDGE O'NEILL: Not if you're spinning the wheel around but
20 something similar to that, yes. If you're going hand over hand in turning the
21 wheel around, by its materials that it is made out of deform out of interference
22 with your ability to operate the steering wheel when pressure applied?

23 MR. KENNARD: I don't think it is you grabbing it; I think it deforms
24 period. It is -- the material it is made of, you wouldn't deform it; it would just
25 deform. They talk about -- you know, you are moving your hand, they talk

1 about using it, being pliable. If you moved it around, it wouldn't be you
2 deforming it; it would deform by itself.

3 JUDGE O'NEILL: I believe Anson says that the material is pliable
4 and semirigid. So it has some rigidity associated with it. I don't think it just
5 flops around.

6 MR. KENNARD: Well, they say it is a bulbous rubber material and
7 they have -- I think if they -- the way they talk about it used, it will move. It is
8 meant to move.

9 JUDGE KERINS: Counsel, you had given a situation in an emergency
10 you would let go of the dongle and grab the steering wheel. I understand what
11 you're saying there. Suppose you then start turning the wheel and because the
12 dongle is sitting down in the lap portion that the dongle ends up hitting your
13 thigh.

14 Would your body not then be deforming the dongle as you're turning the
15 wheel to deform it out of interference with your thigh?

16 MR. KENNARD: It wouldn't be gripping the wheel. It may move and I
17 think deforming pressure when you're grabbing -- if you're grabbing and
18 deforming pressure on that, it may move to the left or right, say it hits your leg
19 if it is laying down.

20 But it is not moving out of interference with you grabbing the wheel. It
21 moved -- your leg will move it, but that is not moving out of interference so
22 you can grab the wheel.

23 JUDGE KERINS: So it has to be the same body part that is resting at
24 one point and deforming at another part?

1 MR. KENNARD: It is -- the part that we believe is the part that is
2 being -- you're correct. It is so that if you're resting on something, you grab
3 the wheel, you can grab the wheel over that unit so that it will deform out of
4 interference.

5 And that is -- and a good example of this is if you think about the old
6 suicide nobs that used to be on -- that is something that sits out from the wheel.
7 You could rest your hand on that. But if you ever had to grab, that is going to
8 hit.

9 And so the issue, getting back to your specific issue, and that is the
10 hitting your leg. Interference -- your leg is not the one driving the car; it is
11 going to be your hands when you grab it.

12 JUDGE O'NEILL: But your claim just asks for it to be formed out of
13 interference with the vehicle's operator ability to operate a steering wheel.

14 Now, surely, going back to Judge Kerins' hypothetical, it being the inner
15 thigh knocking it out of the way, surely that -- and it deflecting as we kind of
16 all agreed to, I believe, would deform out of interference with the vehicle
17 operator's ability to operate the steering wheel because if it didn't, then you'd
18 have this dongle hit your thigh and you couldn't turn your steering wheel.

19 MR. KENNARD: The -- if it was a stiff dongle? If the dongle was
20 stiff?

21 JUDGE O'NEILL: Right. If it couldn't deform out, if it was a stiff
22 dongle -- and giving Judge Kerins' hypothetical of having to grab it like you
23 said at 10:00 and 2:00 and having to turn the wheel to the left or to the right, it
24 would hit your inner thigh.

1 And if it didn't have any capability of deforming out of interference, it
2 would then preclude the operator to operate the steering wheel because your
3 thigh would block it. You couldn't turn it any farther.

4 MR. KENNARD: The thigh -- take the assumption -- Judge Kerins'
5 assumption you have a stiff -- it is stiff versus pliable.

6 JUDGE O'NEILL: Right.

7 MR. KENNARD: In hitting your leg about deforming out of
8 interference with the wheel, it is, we believe, the hands that are providing
9 being rested would be the ones that should grab the wheel.

10 They are consistent with we have never changed -- this is what is
11 provided and hopefully the claim is clear enough that if this is resting and this
12 is going to bend out of interference of where your hand is resting, the thigh --
13 contacting the thigh would not be, we believe in the context of that claim, out
14 of interference. Because the thigh is not what is operating -- your operating
15 ability of your hands if it is a stiff one, and they didn't talk about having a stiff
16 one.

17 JUDGE O'NEILL: But it just says vehicle operator's ability. Doesn't
18 limit it to hands or body parts. I don't see any limitation of body parts in this
19 claim at all. I just see vehicle operator's ability to operate the steering wheel.

20 That is the key throughout this entire claim portion of the vehicle
21 operator's body. I think there is no limits on having it held in hands. It could
22 be any body part. It appears to be -- what appears to be stated.

23 MR. KENNARD: And the Anson reference, in reviewing it, it didn't
24 talk about that type of structure. It was one to be pliable.

1 JUDGE O'NEILL: But again, I think the issue between you and the
2 Examiner has never been something what the Anson explicitly discloses; it has
3 been what Anson could inherently disclose or is capable of doing. That
4 appears to be the entire issue.

5 And so we are looking for something to -- some evidence to show that
6 Anson is incapable of performing this function in some way or manner, viz.
7 deforming out of interference of the vehicle operator's ability to operate a
8 steering wheel and pressure from the portion of the vehicle operator's body as
9 applied to the second section is equal to or greater than the pressure deforming
10 the second section out of interference with the vehicle operator's ability to
11 operate the steering wheel.

12 MR. KENNARD: The reference -- the support that goes back, and this
13 goes back to if I may talk about Anson again. Anson has an ability. It is
14 flexible and it -- you're right, Judge Kerins. If you turn the wheel and it hits
15 your leg, I assume it is going to be pliable. It is going to move. And I think
16 we all agree it would move. We haven't challenged that from Judge Kerins.
17 The second issue is if we make it a stiff item, and that is the one that I
18 think Judge O'Neill, you're saying, if it is stiff, would that make a difference?
19 If I'm correct.

20 JUDGE O'NEILL: Right.

21 MR. KENNARD: If it is stiff and you turn and it hits your leg, and that
22 would be one which would interfere with your ability to steer the car. Am I
23 correct?

24 JUDGE O'NEILL: Correct.

1 MR. KENNARD: That is what you're saying. So if we look at the
2 pliable version of Anson, then if you turn the wheel and it moves, then that is
3 moving out of interference with -- am I framing that correctly? I just want to
4 make sure.

5 JUDGE O'NEILL: Right. You're framing it correctly.

6 MR. KENNARD: As we see the situation with Anson, it is not just
7 the -- the inherency issue you're talking about goes to not only that one
8 issue, but it also goes to how it is used -- not used but how they describe the
9 structure, its operation in there and the resting portion, which we talked about
10 earlier. I think we have to put it all together. The hands grip the bulb and say
11 it is pliable and the rest is provided by the arms on the lap.

12 And that -- when you do that, that would be holding it, and the
13 inherency issue inherently moving, hitting the leg, is not as we see the same as
14 what we're talking about where we're talking about if the inherent feature of
15 one of the others is it would move if you grabbed it because you're actually
16 associating the unit or the device of the hand.

17 And that is where we see the difference between the two. Because
18 Anson is sitting down below in a location that is different from associating
19 directly with the wheel.

20 JUDGE HORNER: I think we've got your arguments. We're running a
21 little short on time. If you want to just wrap up briefly and then we'll see if
22 there are any more -- do you have another question?

23 JUDGE O'NEILL: He has the 112. Have you gone over the 112?

1 JUDGE HORNER: Do you want to touch on the 112 just briefly? I
2 mean, we've got your arguments from the brief, but if you've got anything you
3 want to add.

4 MR. KENNARD: What we provided with the arguments on the 112
5 issue is -- as I said, we filed this second case, which is the 1568, if I am
6 correct.

7 JUDGE KERINS: 5608.

8 MR. KENNARD: I'm sorry. In that -- when we added --

9 JUDGE KERINS: No, I'm sorry. 1868.

10 JUDGE HORNER: 1868.

11 JUDGE KERINS: I apologize.

12 MR. KENNARD: What we added -- in that reference, what we're
13 talking about rigid, semi-rigid, flexible and deformable. We'll get to that issue.
14 They weren't meant to be common terms used when someone wouldn't
15 understand the art. We provided the dictionary definitions of them.

16 And also the Phillips case which was cited by the Examiner talks about
17 that and says if it is something a layperson would understand what those terms
18 would be, that is sufficient for the descriptive portion of the claim.

19 We're not trying to say it is anything other than what a dictionary
20 definition of those terms would be. He cited the 1969 case which says flexible
21 is ambiguous, and the Examiner cited it in his art. But we believe that in the
22 context of what we described, the dictionary definitions do describe what this
23 material is.

24 JUDGE O'NEILL: So you're basically -- you agree that your
25 specification provides no explicit definition for these terms?

1 MR. KENNARD: No.

2 JUDGE O'NEILL: You don't have to say when we say rigid we mean
3 this. That is an explicit definition.

4 MR. KENNARD: We did not say that.

5 JUDGE O'NEILL: You don't have that. And the words -- none of the
6 words around these terms are going to kind of help us construe this language
7 meaning? It is just -- you're just asking us to rely basically on the plain
8 meaning found in -- that one of ordinary skill in the art -- that is what
9 one of ordinary skill in the art would understand that these terms mean.

10 MR. KENNARD: Yes, Your Honor. In the argument by the Examiner
11 back to us, it was, you know -- it started out what was a Rockwell number for
12 the material and in which -- if it was a metal I could see what the Rockwell
13 number would be. And then he changed a couple of times what he had in his
14 argument and then came back with what is the objective test in the final
15 iteration of the Answer about objective tests and cited the Phillips.

16 But what we're citing to is it is the common understanding what you
17 would know as semirigid. If you look at the dictionary definitions, one of
18 ordinary skill or a layman would understand what those would be from the use
19 in the specification.

20 JUDGE KERINS: Counsel, this wasn't raised by the Examiner and we
21 originally approached it from the language about non-deformable and how can
22 it be non-deformable yet be deformable. Apparently you've said you'll try to
23 fix that up in further prosecution. How about the word "rigid" though? If we
24 have something that is rigid, how is it going to deform?

1 MR. KENNARD: It can deform. It can deform and I think we talk
2 about this. This is the material here can be rigid. This is the second section.
3 If at the first -- and we talk about the first section, this can be rigid and still
4 bend down. You can still grab it. It is not going to fall all the way around, but
5 you can still grab it in an emergency. And that is what we were getting to
6 there.

7 And because we didn't want from the first application -- that is the
8 5608?

9 JUDGE KERINS: Yes.

10 MR. KENNARD: I got it finally. That Mr. Wilson wanted to share that
11 it could be other materials, explicitly other materials, and that being -- this
12 could be rigid and it could be semi-rigid and it could be flexible, and that is
13 where --

14 JUDGE KERINS: Counsel, this may not have an ultimate effect, but
15 does that exclude anything -- rigid, flexible and semi-rigid? Is there anything
16 excluded by reciting that that way?

17 MR. KENNARD: I haven't looked at it that specifically, and I would
18 have to take that under advisement if it excludes anything, but he wanted to
19 cover that it could be of other mixture. That it would be understood by
20 someone reading it of the two patents that that would be -- or two applications
21 that it could be of different materials.

22 And also it goes and explains within the second application the
23 flexibility can be -- at the juncture in there if you read through the specification
24 it talks about that, so that would apply.

25 JUDGE KERINS: Fair enough.

1 JUDGE HORNER: Any further questions?

2 JUDGE KERINS: Nothing more.

3 JUDGE HORNER: Thank you for your time.

4 ~~MR. KENNARD: I'd like to thank you for your time and effort and~~

5 letting me take some informalities of sitting down which is not normal in a

6 courtroom.

7

8 (Whereupon, the proceedings at 2:26 p.m. were concluded.)